

DEPARTMENT OF ENERGY

HYDROGEN PROGRAM

MANUFACTURING R&D

PRE-SOLICITATION MEETING

FRIDAY, MAY 18, 2007

CRYSTAL GATEWAY MARRIOTT
1700 JEFFERSON DAVIS HIGHWAY
SALONS 5 AND 6
ARLINGTON, VA. 22202

A G E N D A

- 1:00 p.m. Welcome and Opening Remarks
JoAnn Milliken, Chief Engineer, U.S. DOE
Hydrogen Program
- 1:05 p.m. FOA Application Process and Anticipated
Timeline
Jill Gruber, Project Officer, U.S. DOE
Hydrogen Program, Golden Field Office
- 1:30 p.m. Manufacturing FOA Proposed Scope and
Topics
Pete Devlin, Manager, Manufacturing R&D
And Market Transformation, U.S. DOE
Hydrogen Program
- 1:50 p.m. Break for Comment and Question
Submission and DOE Review
- 2:30 p.m. Reconvene to Discuss Comments and
Provide Responses to Questions
- 3:30 p.m. Closing Remarks/Adjourn

1 and we want to answer those for the process.

2 So Jill will be up here. And we think this
3 is probably the smoothest way to handle it. The
4 general process questions could be answered at this
5 point, 1:50. Then we are going to hand out these note
6 cards and have you fill out the note cards with a
7 comment or input or even a question on the topics
8 themselves. Then turn those in to us and you can take
9 a little break, go for a walk or something, while we
10 sort them out and collect them. Then we come back here
11 at 2:30 and we go through what our responses to your
12 comments, questions, input or what have you. So that's
13 how we want to work it. We think that's the best way
14 to get your specific comments addressed and get on with
15 the solicitation as quickly as possible.

16 A couple other things. This is a public
17 meeting. It was registered in a Federal Register
18 Notice and also it's our procedure to record these
19 meetings. So there is a recorder over here, Cindy
20 Thomas, who is going to be transcribing all the
21 questions on a non-attributed basis. Your names will
22 not be included in transcription. And the handout we
23 are going to give you with the topics, the proceedings

1 here as well as the transcript of the questions and
2 answers will be posted on the web, probably next
3 Wednesday or Thursday. So that's how we want to handle
4 it in terms of how to run this meeting.

5 Any questions so far?

6 [No response.]

7 MR. DEVLIN: Okay. All right. Let's go
8 ahead and get started. I would like to introduce JoAnn
9 Milliken our chief engineer to welcome you.

10 MS. MILLIKEN: Good afternoon. We've been
11 planning this manufacturing initiative for -- this
12 manufacturing effort for about two years. This effort
13 is at the intersection of two Presidential initiatives,
14 the Hydrogen Fuel Initiative which is spearheaded by
15 the Department of Energy and the goal of which is to
16 develop commercially-viable hydrogen and fuel cell
17 technologies by 2015 and the President's Manufacturing
18 Initiative which is spearheaded by the Department of
19 Commerce and the goal of that is to enhance
20 manufacturing in the U.S.

21 In July of 2005 we held a workshop to begin
22 the process of identifying the R&D priorities for this
23 manufacturing effort and since that time we have been

1 talking to a lot of companies to refine those R&D
2 priorities. And so the solicitation topics that Pete
3 is going to present will be a reflection of that
4 activity over the past two years. There are really two
5 major objectives for this effort.

6 First of all to reduce the cost of hydrogen
7 and fuel cell technologies by enabling high volume
8 manufacturing processes and number two, to develop a
9 domestic supplier base. And as I see it, we have two
10 major challenges for this effort. Making this a value-
11 added effort while the technologies are still
12 developing, the fuel cells of today, the designs for
13 the fuel cells today may not be the fuel cells that
14 ultimately end up in the cars in the showroom. And
15 it's really, really challenging to address
16 manufacturing when we really don't know what the end
17 point is, what the fuel cell or the MEA is going to
18 look like.

19 Then the other challenge is to make this a
20 value-added activity for most of the community, the
21 majority of the community rather than individual
22 developers. So we have struggled with those two
23 challenges over the past two years and we think we've

1 come up with something that addresses those and makes
2 the most sense. So that's what Pete is going to
3 present. We've spent a long time getting feedback.
4 This is another feedback process because we see those
5 as two difficult challenges. So, with that, I'm going
6 to turn it over to Pete so that he can get into the
7 nitty-gritty here.

8 MR. DEVLIN: Next is Jill.

9 MS. GRUBER: Oh, sorry, Jill is going to get
10 into nitty-gritty.

11 [Slide shown.]

12 MS. GRUBER: I am Jill Gruber with the Golden
13 Field Office. For those of you who don't know much
14 about the Golden Office, it is located in Colorado and
15 it is the Project Management Center for the DOE Office
16 of Energy Efficiency and Renewable Energy. Besides
17 providing technical field project management, we also
18 provide for administration of EERE awards.

19 The DOE points of contact for the
20 manufacturing funding opportunity announcement will be
21 myself as the project officer, Bob Kingsley as the
22 contract specialist, Stephanie Carabajal is the
23 contracting officer, and Pete Devlin is the

1 headquarters contact.

2 [Slide shown.]

3 MS. GRUBER: The information that I am
4 presenting today is an outline of how the funding
5 opportunity announcement may be structured. It can
6 change, so please be sure to carefully check the
7 funding opportunity requirements once it is posted.

8 [Slide shown.]

9 MS. GRUBER: The manufacturing funding
10 opportunity announcement will have multiple topics in
11 the hydrogen storage and fuel cell areas. Preliminary
12 applications will be required which will be reviewed
13 and evaluated to narrow down the number of final
14 applicants. If an applicant is applying for multiple
15 topics then separate applications will need to be
16 submitted for each topic. The preliminary application
17 will consist of the Standard Form 424 which provides
18 business and budget information and a project narrative
19 that will be limited to seven pages.

20 [Slide shown.]

21 MS. GRUBER: It includes a cover page,
22 technical summary and technical proposal. The
23 technical proposal will need to address the evaluation

1 criteria within the funding opportunity announcement
2 and needs to include information on a pre-design
3 analysis. The pre-design analysis should examine the
4 cost reduction that will result from the proposed
5 technology compared to current manufacturing
6 technologies. If the pre-design analysis is completed
7 prior to the application submission, then the results
8 of this should be included in the application.
9 However, if this has not been completed then a phase
10 one should be included in the application. During the
11 phase one the pre-design analysis will need to be
12 completed with a go/no-go decision point based on the
13 results of the analysis.

14 [Slide shown.]

15 MS. GRUBER: The final applications will be
16 by invitation only. They should also identify the
17 topic area within the title and they will include
18 budget information, a project summary and a project
19 narrative with certifications and other business forms.

20 [Slide shown.]

21 MS. GRUBER: The project narrative should
22 provide a clear description of the technical concept
23 and how the work will be accomplished and needs to

1 address the criteria that will be used by the
2 reviewers. There will be a 20-page limit on the length
3 of the narrative. The statement of project objectives,
4 work plan, and schedule will be included in the
5 application as attachments to the narrative. They
6 should include at least one go/no-go decision point.
7 And if the pre-design analysis is being conducted as
8 phase one a second go/no-go point may be necessary to
9 evaluate the progress of the project.

10 [Slide shown.]

11 MS. GRUBER: The applicant should also
12 include information on the project's participants,
13 facilities, and equipment. These will be included as
14 appendices to the project narrative.

15 [Slide shown.]

16 MS. GRUBER: There will be three evaluation
17 criteria that will be used by the reviewers. The
18 technical concept will be weighted by 50 percent. The
19 work planning statement of project objectives will be
20 30 percent and qualifications and facilities will be 20
21 percent.

22 [Slide shown.]

23 MS. GRUBER: The first criterion technical

1 concept will evaluate the perceived value of the
2 project in advancing manufacturing technologies. The
3 clarity of understanding by the applicant of
4 fundamental principles and limitations and the degree
5 to which barriers are identified and addressed within
6 the proposed work. The likelihood of overall success
7 will also be evaluated.

8 [Slide shown.]

9 MS. GRUBER: The second criterion is project
10 work plan and statement of project objectives. The
11 reviewers will evaluate the clarity and completeness of
12 these documents including the task structure and
13 schedule and appropriateness of go/no-go decision
14 points as well as the criteria that they are based on.

15 [Slide shown.]

16 MS. GRUBER: The third criterion is
17 qualifications and facilities. The reviewers will
18 evaluate the experience of the proposed project
19 participants and their ability to perform the work as
20 well as the adequacy of the proposed participation by
21 team members and use of their facilities. The
22 reasonableness of any requests for new equipment or
23 facilities will also be evaluated.

1 [Slide shown.]

2 MS. GRUBER: All individuals who are involved
3 in the evaluation process must sign confidentiality and
4 conflict of interest certifications. This includes DOE
5 and Lab personnel as well as any other people who will
6 read or review the applications. Proprietary
7 information can be included in the proposal, but should
8 be clearly marked.

9 [Slide shown.]

10 MS. GRUBER: All applications will go through
11 an initial compliance review to ensure that the
12 required materials have been submitted and that they do
13 not exceed the page limits. If any of the submissions
14 do exceed the page limits they will be truncated at
15 that page limit and additional pages will not be
16 reviewed. All applications that pass the initial
17 review are evaluated by at least three independent
18 reviewers who provide written strengths and weaknesses
19 and score each application according to the evaluation
20 criteria.

21 [Slide shown.]

22 MS. GRUBER: The score strength and
23 weaknesses from the reviewers are compiled and the

1 merit review committee meets to develop consensus
2 scores, strengths and weaknesses. The merit review
3 committee establishes the selection range and
4 recommends applicants in their report.

5 [Slide shown.]

6 MS. GRUBER: Selections are made by the DOE
7 selection official who factors in program policy
8 factors as appropriate. The program policy factors are
9 not mandatory, but they can be used by the selection
10 official as necessary to advise the project rankings.
11 The program policy factors will include past
12 performance, topic and technology diversity, best value
13 to the program, and the proposed cost share being above
14 the minimum required.

15 [Slide shown.]

16 MS. GRUBER: We are planning on issuing the
17 funding opportunity announcement in July with
18 preliminary applications due in October and final
19 applications due in January. Of course, the schedule
20 is dependent on future appropriations and may be
21 delayed if there's a continuing resolution at the
22 beginning of next fiscal year.

23 [Slide shown.]

1 MS. GRUBER: Barring these circumstances,
2 award selection would be complete in March with
3 negotiations taking place in April. When the
4 manufacturing funding opportunity is posted on
5 Grants.gov it will include all the information that I
6 discussed here today. So please be sure to review it
7 there.

8 [Slide shown.]

9 PARTICIPANT: Do you mean January 2008?

10 MS. GRUBER: Yes, January 2008 and February
11 2008. Sorry about that.

12 Pete, would you like to come up?

13 MR. DEVLIN: Okay. Now, we get into the real
14 nitty-gritty. I want to talk about the topics. Can
15 our support people start giving the handouts to
16 everybody? The handouts you are getting are the
17 descriptions, the draft descriptions of the topics.
18 They are intended for you to be able to follow along
19 and also facilitate your written comments. With the
20 written comments, if you could -- if it's specific to a
21 topic, if you could identify the topic number, you
22 know, T-1, 2, 3, et cetera. It will make it a lot
23 easier for us to collate everything and formulate a

1 response in a short amount of time.

2 [Slide shown.]

3 MR. DEVLIN: Okay. Hopefully everybody is
4 getting them. The next thing if our support people can
5 hand out index cards. And I hope you all brought pens.
6 We have some spares around here. If you don't, you
7 can just come up here and grab one of those pens. So,
8 I'm going to go over the specific scope and topics and
9 basically lay out the kinds of projects that we would
10 like to have proposals on. Then we are going to try
11 this. We will try for verbal Q&A on the process that
12 Jill just described and any particulars you have on
13 that, and written questions for the topics that I'm
14 about to go over. Everybody with me?

15 [No response.]

16 MR. DEVLIN: Okay. I think it will go a lot
17 smoother for everybody. This is, like I said, a public
18 meeting and you can come and go as you please. If you
19 just wanted this for information, feel free to leave
20 after my presentation. You don't have to come back.
21 You could give us the comments and leave too if you
22 have to catch a plane or something. But if you want to
23 have resolution to your comment, you've got to stick

1 around.

2 [Slide shown.]

3 MR. DEVLIN: Okay. We're going to start out
4 with -- first of all, this is all about PEM, let's make
5 that clear, Polymer Electrolyte Membrane fuel cells and
6 the components thereof and how to manufacture them a
7 lot cheaper than they're manufactured today. The
8 current status is around \$3,000 to \$5,500 per kilowatt.
9 We've had quotes from fuel cell developers for
10 forklift trucks in the \$3,000 range and \$5,500 for
11 emergency backup power. That's where those numbers
12 came from, those are actual quotes.

13 We know we've got to get down to something
14 much lower than that. The actual 2015 target is \$30 a
15 kilowatt. The current status for those of you were at
16 the annual program review was described as \$107 a
17 kilowatt with very high volumes, 500,000 units a year.
18 Well, we all know that the industry is not there,
19 production volume levels are considerably lower than
20 that. Very low volumes and high attrition rates, high
21 defect rates. So this is all about improving the
22 technologies for those very high rates.

23 I'll just say one thing about rates. If

1 you're thinking about proposing on a new process, you
2 don't have to say, it's 500,000 units and it will
3 produce this many -- it will result in this many
4 dollars per kilowatt. You could pick an interim
5 volume, that's fine. In fact, we heard a couple of
6 cost estimating discussions about these interim levels
7 being 30,000, being 100,000, being 200,000. So that's
8 perfectly fine.

9 Anyway, so the whole goal of this is to get
10 closer to that \$30 a kilowatt. If we were very
11 successful I would be ecstatic and I think JoAnn would
12 too if we actually had proof positive you can get to
13 \$107 a kilowatt and let the material people worry about
14 getting it all the way down to 30.

15 [Slide shown.]

16 MR. DEVLIN: Okay. First topic, alternative
17 electrode deposition processes. We are dealing with
18 the CCMs and the GDEs, the Catalyst Coated Membranes
19 and the Gas Diffusion Electrodes. Currently because we
20 are at low volume they are not scaled up. We are not
21 convinced that the current techniques are the best ones
22 for scale-up, high-volume manufacturing. So this is
23 all about novel processes for deposition. Things that

1 aren't typically done now that are still being looked
2 at in labs like ink jet deposition, liquid ink, maybe
3 even some kind of a novel particulate dispersion
4 technique that none of us are familiar with. The whole
5 idea is to get a better uniformity on the electrode
6 layer and, you know, of course, reduce defect rates and
7 most importantly lower the process time. So that's
8 what this is all about, trying to scale up in any
9 fashion that we can to get close to those targets we
10 need.

11 [Slide shown.]

12 MR. DEVLIN: So here's what we're thinking
13 the project ought to include. First of all, an
14 evaluation of alternative processes and the current
15 processes; the recommended alternative process that you
16 would like to develop; design study to determine how
17 feasible that is and are there any manufacturability
18 issues including things like environmental issues, if
19 there are emissions as a result of this process or some
20 wastes that would need to be disposed of. And then
21 lastly manufacturing costs. You will see in your
22 handout, I gave you kind of a summary of the cost
23 elements we think are the most relevant. The most

1 important thing that I would like to stress there is
2 that those cost elements are consistent with the cost
3 estimates that we're doing for the technology today
4 through TIAX and DTI. So we need some consistency to
5 roll whatever results we get out of this topic, roll
6 those results into our cost estimate.

7 We're thinking that we want to exclude
8 automated equipment. As JoAnn said, we want to make
9 this kind of a level playing field where everybody gets
10 something and you can pick your automated equipment
11 after your process has proven to work when you decide
12 exactly what product you're going to actually
13 manufacture. So we're thinking automated equipment
14 comes later. This process should precede any selection
15 of robots or any other kind of automated equipment.

16 Okay. Because we're already doing MEAs out
17 in industry we don't think this should be the rock-
18 bottom cost share, 30 percent. This is a novel
19 approach is what we are after. But there are already
20 processes in place and I'll go through the rest in a
21 summary chart.

22 [Slide shown.]

23 MR. DEVLIN: Novel MEA manufacturing. Okay.

1 What we mean by that is we know how to laminate
2 electrodes onto membranes today for three layers --
3 three-layer membranes, that's in production or
4 manufacturing volumes now. What we are after is
5 something that nobody has really thought of in terms of
6 how do you actually put together electrodes and
7 membranes in a more effective way to reduce the costs.
8 Are there alternatives that nobody has tried yet? So
9 much like topic one you start out with analysis of
10 current manufacturing processes, the roll to roll kinds
11 of laminated processes that are currently being used
12 would likely be the thing you would want to have as
13 your benchmark and then look at alternatives. And I'm
14 not going to say what those are because I don't know
15 what they are. I'm hoping that you have some ideas to
16 get those, you know, the costs down and the durability
17 up. That's part of this. We want to build products
18 that last for thousands of hours. So if you just had a
19 faster laminated process, you're not doing the
20 technology that much good. You're not doing it any
21 good in fact. So, I want to stress that durability
22 targets that we have in our program are something to be
23 considered in this kind of project.

1 So, again, a design study of the feasibility
2 and manufacturability of this new concept. Then we
3 want you to figure out how to do some kind of a bench
4 scale test that shows that this would actually work.
5 And much like topic one, we want a cost analysis and it
6 needs to be consistent with the cost elements that are
7 currently in place in the program cost estimates
8 through TIAX and DTI. This is a 20 percent cost share.

9 We don't think -- well, let's put it this way, we
10 don't have a clue what this new process -- what this
11 new approach would be. We know that people put MEAs
12 together every day, but we are looking for something
13 very novel here. It's not just an incremental
14 engineering kind of an effort.

15 [Slide shown.]

16 MR. DEVLIN: Okay. Conditioning. It's been
17 identified that conditioning of cell stack that's been
18 assembled is a significant cost driver. We've seen
19 estimates in some of the presentations today in fact of
20 between five and 13 hours for conditioning. If there's
21 anything wrong with one of the cells you've got to take
22 it apart and find out which one it is, put a new one in
23 and try it all again. So it's a very time-consuming

1 process.

2 This one is kind of out there. If we are
3 really going to compete with internal combustion
4 engines, we would be interested in your ideas on
5 dramatically reducing the conditioning time and
6 obviously the cost of getting through a conditioning
7 step or even eliminating it. If you buy an internal
8 combustion engine there's no conditioning of the
9 engine. They tell you in the warranty, you know, go
10 easy for the first 500 miles or something like that.
11 So we are not looking for that. But we are looking for
12 an approach to possibly even eliminate the need for
13 conditioning as a stack. So, you know, the specifics
14 on this are design concepts that, you know, do what I
15 just said. And each step needs to be explained in
16 exactly how that is going to be done and then there
17 will probably be a go/no-go at that point. Then you go
18 forward with an experiment and provide the test data
19 that shows the reduction in cost of conditioning.

20 So because conditioning is done a lot and we
21 are just looking at reducing the current process or
22 eliminating the current process, we didn't hit the rock
23 bottom industry cost share. It's 30 percent is what we

1 think is appropriate.

2 [Slide shown.]

3 MR. DEVLIN: Process modeling and stacks -- I
4 mean, process modeling for stacks. I wanted to point
5 out that this is the one that we think really addresses
6 the need to be flexible while the technology is still
7 being developed. We need models that can do DFMs,
8 design for manufacturing, DFA, design for assembly, for
9 this technology that is actually still being developed.
10 What we have today for certain thickness of electrodes
11 on an MEA and our models may not be what we have; in
12 the future it may not be the same material even. So
13 we've got to be pretty flexible in how we go about
14 identifying ways to design for manufacturing knowing
15 that the design and even the research before a design
16 is evolving.

17 So there are some things out there. We saw
18 that earlier today for anybody who was at the annual
19 program review that are specific for fuel cells and DFM
20 but a lot of things don't really carry over from
21 internal combustion engines. We think there is still a
22 need for working models that demonstrate cost and
23 quality differences when you change different steps or

Comment [MSOffice1]: Aren't we talking about things currently applying to internal combustion engines but needing to carry over to fuel cells?

1 different designs. And we need to have that kind of
2 model really in the public domain to have everyone get
3 up to speed on what could be done with the technology
4 as it evolves.

5 So, things that we think -- this is very
6 similar to the other topics -- that are appropriate
7 are, you know, you've got to start out with a benchmark
8 of the current processes and how people are doing it
9 and then look at ways to get this to work in an entire
10 stack. And develop a model that will enable quick
11 changes in the base design that would be appropriate
12 for any new materials that are indtrouced as a result
13 of research or any breakthroughs. We don't know of any
14 specific models that do this, so we're suggesting a
15 minimum cost share of industry at 20 percent.

16 [Slide shown.]

17 MR. DEVLIN: Okay. I guess the next topic is
18 all about building some hardware. And it's really --
19 it's not a model, it's not a test. We need to have
20 better quality control measuring devices for stacks and
21 really fast leak test equipment developed specifically
22 for PEM MEAs that would be appropriate to substitute
23 for internal combustion engines. This is probably a

Comment [MSOffice2]: Shouldn't it be "introduced" instead of "induced?"

1 good time to bring up that these topics are not solely
2 for automotive. The goal is to compare against
3 internal combustion engines. We all know that they are
4 used for things like GEN sets and smaller power
5 requirements. So it doesn't have to be directly
6 compared to automotive.

7 Okay. So right now the current state of
8 technology is each one of the stacks is tested manually
9 one at a time and test equipment is expensive and ties
10 up a lot of time and space for performing the test. So
11 we are looking for ideas and designs for equipment that
12 would be low-cost, quality-control measuring devices
13 for the stacks to make sure they're aligned properly
14 and there's no seal issues or what have you; rapid leak
15 test equipment to check the seals and make sure that
16 there aren't any issues after the stack has been
17 assembled. Rather than -- this is one idea we had --
18 coming up with automated cell stack technology. Well,
19 that should come after this. If we are successful
20 here, there's a lot of people, and some of them are
21 probably sitting here with us right now, that know how
22 to automate a good process. So we're looking for 30
23 percent cost share from industry on this.

1 [Slide shown.]

2 MR. DEVLIN: Okay. The next topic area is
3 on-board storage. So that's what we have for PEM fuel
4 cells. Right now to get the kind of early deployment
5 that we are after and to enable fuel cell companies --
6 manufacturers -- to get their products faster to
7 market, we really need inexpensive, you know, high-
8 energy density storage systems. And we may even need
9 that for initial conversion of, you know, mobile
10 systems including automotive or light-duty vehicles.
11 There is no system that meets the requirements of this
12 program currently. Although a 10,000 psi gaseous
13 system can come close. Now, you know, we could use the
14 10,000 psi. I know a lot of industry manufacturers are
15 interested in that. But we went out for a quote and we
16 got \$240 to \$420 per kilowatt hour. Well, our goal is
17 \$2 per kilowatt hour by 2015. So we've got some work
18 to do, to say the least.

19 This is a technology that's already been
20 commercialized in very low volumes in very small niche
21 markets. But to get anywhere close to that \$2 we think
22 it's going to require a lot of manufacturing R&D.

23 If we got close to the current high volume

1 number that I heard earlier this week of \$18 a kilowatt
2 hour, I think we could call this a complete success.

3 [Slide shown.]

4 MR. DEVLIN: Okay. So this topic we are kind
5 of picking a winner here in terms of the technology.
6 And it's just an interim winner. All the low pressure
7 technologies that have a shot are not included. Those
8 are all in research labs. But a composite -- carbon
9 composite storage tank could be made a lot less
10 expensive in a number of different ways. So we are
11 looking for a dramatic reduction in costs and the time
12 necessary for producing these.

13 One of the things under "to be addressed"
14 that's a little different from this topic is we're also
15 looking for precursor materials. The current precursor
16 material is about 20 or 40 percent higher than what we
17 think it could be if there were some things
18 investigated -- also in very high-strength carbon
19 composites of around 700 ksi.

20 Then once you get that precursor and you
21 figure out a way to get the carbon in, in a way that
22 doesn't require very much of it as providing that kind
23 of strength, you go to the actual winding and placement

1 of this material and how can we do it better? Right
2 now it's done on a mandrel, it's done on this little
3 machine that rolls, and rolls, and rolls for hours and
4 hours and days and days. And we think there's got to
5 be a better way to do this. There has to be a better
6 way if you're going to do 500,000 of them a year.

7 We would like to see a cost model in any
8 proposal that describes this process in a stepwise
9 fashion that explains how it's better than current
10 stated technology. And any new technologies or
11 processes that would reduce the overall cost of winding
12 and actually putting these tanks together would be
13 appropriate. Then actual lab work -- engineer scaled
14 tests for a storage system to show that it's actually
15 got the kind of pressure that we need.

16 One thing that -- this was another one that
17 we said, if you figure out the process then somebody --
18 and maybe it's you -- can figure out what the automated
19 equipment is to enable that to happen. So, you know,
20 you could assume some things in terms of the automated
21 equipment. Just tell us what you're assuming and why
22 in your lab testing, and we'll be satisfied. We are
23 not here to develop products. And we think you cut

1 across the line when you say, all right, now what are
2 you going to use for the automated system? Well,
3 you've got to have a product designed to really do
4 that. So we are going to stop short there and just you
5 explain to us what kind of automation would be
6 appropriate and how you would proceed and why. And
7 then that's enough, I think, for people to start
8 developing it. And it might be you.

9 [Slide shown.]

10 MR. DEVLIN: Here's a summary of the topics
11 in case you weren't following along. But you do have
12 the handout. First of all the total DOE funding is up
13 to \$48 million and this project will be three to four
14 years in duration starting next year. And this is just
15 a summary of the total, but if you looked at the cost
16 share, you're up around \$61 million total for this
17 solicitation. There are a couple things that are not
18 in this topic. That's it for the topics, there are six
19 of them. One of them is the balance of plant for fuel
20 cells. A lot of people think that's still an issue. I
21 think I agree. But we are seeing some improvement
22 there already without any government support. So we
23 don't see a need for government intervention in

1 development of balance of plant equipment right now.
2 So our estimators are showing us numbers that the costs
3 are coming down and more specific products are being
4 developed for PEM fuel cells. So that's one thing.

5 Another one is no production. No hydrogen
6 making technologies. There's a couple reasons for
7 that. First of all that's only going to be required for
8 automotive when you need 1,500 kilograms a day to fill
9 vehicles. And we are still thinking we're a ways away
10 from automotive. It may be appropriate five years or
11 four years from now to do a manufacturing solicitation
12 for a lower-cost natural gas reformer or ethanol
13 reformer, but we are really not there and we only have
14 so much money to work with. In fact, this is kind of
15 stretching it over the four years. So we're going to
16 shy away from that. And also some of the more specific
17 technology like solid oxide APUs, well, that might be
18 an early market. But it's not towards the goal line of
19 what we're trying to do in automotive for the long run
20 so we kind of left that out too. All these things
21 might be in if we had a lot more money. But since we
22 don't, we just picked the stuff that we felt was
23 critical and we absolutely have to have some early

1 success to help nurture some of these early markets.

2 Okay. So in summary it's all about reducing
3 the costs, you know, costs, costs, costs, that's it
4 while we're still developing the technology. That's
5 where it gets kind of tricky, how do you do that?
6 We're supposed to wait for the technology. Well, there
7 are products out there, point out some ways you could
8 benchmark or use that as a starting point and to
9 improve that because some of the technology development
10 will result in no change to the current designs that
11 are being manufactured. And all this should lead to a
12 better supplier -- U.S. supplier base. That's a big
13 kind of indirect benefit of this. We want to develop
14 manufacturing capability in this country and to build
15 up the supplier base so that when we're ready for the
16 big applications like automotive we've actually got a
17 base ready to be mobilized.

18 Okay. I think that's all I had to say. Why
19 don't we ask -- get some questions in terms of the
20 process that Jill described and then does everybody
21 have an index card and something to write on? If you
22 want to ask a question, provide input of any sort like,
23 you know, your cost share is way too low it should be

1 much higher.

2 [Laughter.]

3 MR. DEVLIN: Probably not going to say that.

4 [Laughter.]

5 MR. DEVLIN: Whatever it is, put it on the
6 card and please identify the topic. And then, you
7 know, like I said, we'll collate it and figure it out
8 and come back in about 40 minutes and give you a
9 response. And then if you still have a question or an
10 issue or input, you know, give it to us verbally. Are
11 there any general questions?

12 PARTICIPANT: Yeah. What kind of
13 organizations can apply for these projects?

14 MR. DEVLIN: We're thinking that it's really
15 up to the proposer which would include, you know,
16 industry, manufacturers. It could be automotive tier
17 one, two. It could be anybody involved with this kind
18 of work. It can be universities and it can be national
19 labs. You could have a nice team of all of those if it
20 makes sense for you.

21 PARTICIPANT: It was mentioned a couple times
22 [indiscernible].

23 MR. DEVLIN: Right.

1 PARTICIPANT: You mentioned earlier not
2 necessarily all automotive.

3 MR. DEVLIN: Right.

4 PARTICIPANT: Not necessarily all automotive
5 So it could be for stationary?

6 MR. DEVLIN: Yes.

7 PARTICIPANT: The solicitation is just for
8 PEM?

9 MR. DEVLIN: Yes, it is just for PEM. The
10 question is, is this solicitation just for PEM? And
11 what we are trying to do is get our cake and eat it
12 too. We want to help enable the early markets for PEM
13 to pave the way for the big markets, automotive.

14 Yeah, solid oxide is done on the SECA program
15 at NETL in the Office of Fossil Energy. So that's an
16 opportunity there.

17 PARTICIPANT: What responsibility will be in
18 the contracting? And in particular will this be let
19 under OTA (Other Transactional Authority)?

20 MR. DEVLIN: We're going to leave that open.
21 We're going to say OTA could -- you can use -- you
22 answer that. I think I know the answer, but, Jill, do
23 you want to answer that? I'm going to say, the way we

1 left that was you could propose to have OTA guidelines.

2 MS. GRUBER: Yes, that will be described in
3 the follow-up. It will be described in the follow-up
4 when that's issued, but it will probably be both.

5 PARTICIPANT: It will be what?

6 MS. GRUBER: Both (types of agreements).

7 PARTICIPANT: Do you mean TIAs (technology
8 investment agreements) or grants?

9 MS. GRUBER: Yes, that or a normal
10 cooperative agreement.

11 PARTICIPANT: What?

12 MS. GRUBER: Both. Both types (of
13 agreements).

14 PARTICIPANT: Both.

15 MS. GRUBER: Yes.

16 MR. DEVLIN: Okay. It can be either or, I
17 think, or both.

18 [Laughter.]

19 MR. DEVLIN: Either or, is that correct?

20 MS. GRUBER: Yes, either or.

21 MR. DEVLIN: Okay. What's the next question?

22 PARTICIPANT: Can National Labs be partners?

23 MR. DEVLIN: Sorry, can you say again?

1 PARTICIPANT: Can National Labs be partners?

2 MR. DEVLIN: Yes. Again. In the yellow
3 shirt back there.

4 PARTICIPANT: [Indiscernible].

5 MR. DEVLIN: Behind you and then you. Okay.

6 PARTICIPANT: Can cryogenic liquid tanks be
7 proposed or only gaseous tanks like the Livermore
8 approach?

9 MR. DEVLIN: Yeah, we're after a cheap
10 gaseous tank here of 10,000 psi. We haven't really
11 thought too much about that, to tell you the truth. If
12 you could get to the kind of numbers that we have --

13 PARTICIPANT: So you can propose cryogenic
14 liquid tanks?

15 MR. DEVLIN: Yeah. Sorry, give us a -- yeah,
16 we're going to --

17 MS. MILLIKEN: The solicitation is limited to
18 high pressure storage because we're not doing liquids
19 because of the energy penalty associated with
20 liquefaction. Because of the energy penalty associated
21 with liquefaction. And you mentioned the Livermore
22 approach. The Livermore approach is still too bulky
23 for feasibility. So I would say that until that

1 technology is reduced in size and weight that it
2 doesn't make much sense to pursue manufacturing at this
3 point. Also, it's not clear if the vehicle
4 manufacturers are interested in the technology enough
5 to justify developing manufacturing for it.

6 MR. DEVLIN: Okay. Thanks, JoAnn. Just
7 general questions now. The topic questions, put them
8 on your card and we'll answer them in 40 minutes. You
9 were next.

10 PARTICIPANT: So are you planning on having
11 the final applications due in January?

12 MS. GRUBER: Right. So that when we receive
13 the preliminary applications we will have to go through
14 the whole process of reviewing the merit view and all
15 that which should take about two months. So, you know,
16 this is an approximate timeline. It really depends on
17 the number of applications that we receive. If we do
18 need more time then it would be fixed in February if
19 needed.

20 PARTICIPANT: So there will be enough time
21 for applicants to put together their final
22 applications?

23 MS. GRUBER: Yes, we'll have enough time in

1 there for people to complete their applications.

2 MR. DEVLIN: Now, this verbal Q&A is only for
3 the process, not for the topics. I really want to have
4 it done the way we described it where we have them
5 write down on the topics. Go ahead.

6 PARTICIPANT: Is JoAnn going to hand out what
7 she showed us?

8 MS. MILLIKEN: No, there's not a handout for
9 that part.

10 MR. DEVLIN: It will be on the web with the
11 rest of the stuff. Next one.

12 PARTICIPANT: Can our university be prime?

13 MR. DEVLIN: Yes.

14 Any more general questions? Go ahead.

15 PARTICIPANT: When you put out a
16 solicitation.--

17 MR. DEVLIN: Tom?

18 PARTICIPANT: When you put out the
19 solicitation will there be a requirement to have
20 industry be the prime?

21 MR. DEVLIN: No, there won't be requirements,
22 but we may say something like it will be encouraged to
23 get the best of each type of business or organization.

1 Okay. We want to do these cards so that we
2 don't -- two things, we don't answer the same question
3 five times and also we want to have time to kind of
4 caucus on it if there's an issue and make sure that we
5 give it proper disposition. So if you could fill out
6 your cards and hand them to Andrea and Joe and then
7 we'll take a 40 -- we were planning on a 40-minute
8 break and then if you care, you can come back, and if
9 you don't, you don't have to. If you want to hear what
10 other people are thinking, you can come back and we'll
11 answer the questions, say what were thinking we're
12 going to do about it and if you have further questions
13 it will be verbal at that point, okay. So why don't
14 you hand them. I guess we're right on schedule. 2:30.
15 Come on back at 2:30 and we'll answer your questions
16 that you wrote. Thanks.

17 [Recess taken at 1:47 p.m.]

18 MR. DEVLIN: Okay. Everyone come in who
19 wants to come in as quickly as possible. Some really,
20 really good input. That's my take on it. We are going
21 to go through all of them. There are some general and
22 process ones so Jill is going to cover those. There
23 are some basic program overview ones and JoAnn is going

1 to cover that and I'll do the nitty-gritty topic by
2 topic. Okay. Go ahead.

3 MS. GRUBER: Okay. So the first question was
4 will grants.gov be able to handle the volume of
5 proposals? We don't anticipate any problems. Please
6 be sure to submit your applications in plenty of time
7 so if there is any problem we have time to work with
8 you to figure out what's going on. And also, please be
9 sure to print a confirmation once the application has
10 been successfully submitted just so that you do have
11 that.

12 The second question, is it permitted to use
13 foreign country based suppliers and subcontractors?
14 Yes it is.

15 And third question, do we qualify if part of
16 the manufacturing is done locally and after some time
17 another part is done overseas? Right now, as of right
18 now you do qualify to apply. This is something if
19 there are any restrictions on the applicant this will
20 be specified when the funding opportunity announcement
21 is issued. So please do be sure to read through that
22 carefully to see if there are any restrictions. And
23 this is also something that might be added into the

1 program policy factors as a consideration that we'll
2 take.

3 MR. DEVLIN: Okay. Thanks, Jill. JoAnn has
4 a couple of programmatic questions.

5 MS. MILLIKEN: The first question is, if the
6 goal is to build supplier base -- it's more of a
7 comment than a question. If the goal is to build
8 supplier base National Labs should not be allowed to
9 lead because National Labs do not manufacture products.
10 To let National Labs lead would be a waste of money.

11 [Laughter.]

12 MS. MILLIKEN: Any National Labs want to
13 comment on that?

14 [Laughter.]

15 MS. MILLIKEN: Well, you know, we thought
16 about this and remember that I said in my opening
17 remarks that we want this effort to have wide
18 applicability to benefit the community as much as
19 possible rather than specific design -- fuel cell
20 design. So we think we know that National Labs have
21 capability in this area. We know they're not going to
22 build systems or components. But we think they offer
23 capabilities that will be available to the entire

1 community. And so we are going to allow them to
2 participate in this solicitation.

3 Second question is, will National Labs be
4 required to provide cost sharing and if so, where do
5 you expect them to get it from?

6 [Laughter.]

7 MS. MILLIKEN: This from National Labs no
8 doubt. But, unfortunately, the National Labs are
9 required by statute to cost share. And there's not --
10 we don't particularly like it, but there's nothing we
11 can do about it. And as to where we expect you to get
12 it from, well, I'm afraid you're going to have to
13 figure that out. I would think that by teaming with
14 industry you might be able to come up with some
15 innovative approaches.

16 By the way, we are trying to address that
17 within the Department, but we haven't been successful
18 so far.

19 How will the number of awards and size of
20 awards be affected by FY08 appropriations; i.e., what
21 is the projected FY08 appropriation and if funding is
22 less will all topics be treated equally, or will some
23 get higher priority?

1 The FY08 request is \$5 million. We don't
2 have an appropriation yet. If we don't get the full
3 request, then we will have to make some hard decisions
4 and won't be able to fund as many projects. And we
5 generally do not cut across the board. We generally
6 prioritize and that's what we are likely to do and we
7 can't say how we will prioritize yet. But we will.

8 This is actually a topic one question and the
9 only reason that I'm going to read it is because we
10 don't understand it. And so this person should contact
11 us to clarify.

12 What level of electrochemical testing -- I
13 think it says "testing" it's hard to read -- will be
14 necessary to prove manufacturing method? And we don't
15 understand what that means.

16 And then there was a topic three question.
17 Who does stack technology? And I mean, we can answer
18 that. There are a lot of stack developers, but we
19 really don't understand what the intent of the question
20 is. And so if this person wants to contact us
21 afterwards we would be happy to help.

22 MR. DEVLIN: Okay. Thanks, JoAnn. Okay.
23 I'm going to start with the general topic kind of

1 questions -- general questions on the topics. I'll
2 start with the easy ones.

3 High temperature MEAs, do they qualify? Yes.

4 Stationary versus auto MEAs, do they qualify?

5 Yes.

6 I wish they were all that easy.

7 [Laughter.]

8 MR. DEVLIN: Is the technique of rapid
9 prototyping considered an automated process and hence
10 excluded? No. In fact, when we talk about DFM and
11 DFMA that is rapid prototyping, right. So that is
12 included explicitly. What we mean by "automated" is
13 the actual equipment to build the component.

14 Is the solicitation limited to PEM
15 technology, solid oxide fuel cells? SOFC is a highly
16 viable alternative technology to today's diesel gen
17 sets. We agree. They are. But the SECA program has
18 got the lead in manufacturing research. So you should
19 look to them for any opportunities. However, we've got
20 a request for information out on market transformation
21 and you could respond to that with solid oxide fuel
22 cells and we would really like to hear from you on
23 that.

1 Okay. At the July 13th and 14th, 2005
2 manufacturing R&D workshop high-speed forming and
3 joining methods for bipolar plates and subassemblies,
4 plate gasket, and MEA were highlighted as a high
5 priority. Why are components subassemblies of this
6 type not included?

7 Well, I think I mentioned, we've got a very
8 limited budget and, you know, we may be able to
9 consider some of this type of technology in our SBIR.
10 In fact, that's being discussed now as a topic, bipolar
11 plates and joining methods.

12 Oh, yeah, sorry. We think the MEA is the
13 critical one that's why there are so many topics that
14 deal with the MEA.

15 Why is there not a separate topic on novel
16 manufacturing methods for metallic bipolar plates?

17 Well, I think I just answered that one. The same
18 thing.

19 Will you distribute a contact info list of
20 the attendees in order to help put together teams of
21 companies, labs, and universities?

22 I'd say that to get a feel for potential
23 candidates for your teams, just go on that web and look

1 at our Annual Progress Report, you'll get a lot more
2 facts about what each company is doing in this area and
3 you'll be able to approach the most appropriate people
4 for teaming.

5 How about a topic on systematically
6 quantitatively defined requirements for tolerance in
7 physical and chemical properties? This helps define
8 manufacturing process requirements.

9 Well, it does. This is true. But we think
10 you kind of crossed over into the specific products at
11 that point. We've heard from people as we were
12 gathering information that that was brought up in the
13 workshop in 2005 and we're kind of -- we're not
14 pursuing this because it's way too specific to products
15 even though it's important.

16 Okay. This is a general one. Let's see, why
17 break down into unit operations for component parts and
18 why assume DTI and TIAX's approaches?

19 This is not a requirement. You know, when I
20 mentioned the DTI and TIAX analyses, it was only to
21 serve as a guide for the kinds of things that we're
22 looking for in your cost analysis. You don't have to
23 meet that, you know, cost element definition found in

1 those estimates. So if you get the impression that we
2 were specifying these estimate component -- estimate
3 elements, no, you don't have to. Just a general guide.

4 In fact, what you see in the write-up is, you know,
5 about the level that we're thinking that you ought to
6 address.

7 Why are you so attached to plate on frame
8 architecture to the stack?

9 Well, we're not. We just know about that.
10 So bring it on if you've got something else.

11 How about single-step integrated approaches
12 like the Japanese are developing?

13 Yeah. We like that. Please let us
14 understand it better by proposing.

15 Okay. And the specific topics. Topic one,
16 refresh your memory, alternative electrode deposition
17 processes. How much effort is anticipated for actual
18 manufacture of high-volume equipment?

19 If I understand it correctly, the answer is
20 none. We are not asking you to put together actual
21 manufacturing equipment. That might not be what you
22 were after there. If it's not, contact me so I can
23 understand it better.

1 Here's one more general. Will proposals
2 related to direct methanol fuel cells be considered?

3 We have to consider it. Direct methanol fuel
4 cells are part of our program and that was not specific
5 in here, so that's going to be a change. You know, I
6 said PEM and all that. But direct methanol is part of
7 this program. So that needs to change.

8 But also they say, as long as there's a clear
9 path applicable to PEM. So, agree with that too. But
10 we will be specific about DFMC.

11 Topic one or two, electrode deposition and
12 the novel MEA manufacturing. It says, will this topic
13 be limited to conventional stack designs or will jelly
14 roll out-of-box designs be considered for the novel
15 MEAs?

16 Bring on the jelly rolls, yeah. I mean, it
17 doesn't have to be conventional. We are looking for
18 innovation here.

19 Topic one or two, is R&D applied to PEM? Is
20 PEM-based electrolysis going to be allowed?

21 And I'm going to say not on this one. Not at
22 this time. You notice that all the topics deal with
23 very high volume, 500,000 units. And, you know,

1 electrolyzers are really in the hundreds of units.
2 They're important, but not as important.

3 Okay. Topic one, focus on electrode MEA
4 fabrication is good, however, the gas diffusion layer
5 has been shown up to represent significant costs. I
6 recommend adding a topic for gas diffusion layer
7 manufacturing.

8 We had a lot of discussion on that. We are
9 going to have some more discussion. We might agree
10 with you after more discussion. We will take this
11 under consideration and decide whether or not a GDL
12 topic should be in.

13 This is a general one. Sorry. Why are the
14 budgets different from topic to topic and the cost
15 share different?

16 Okay. I guess the reason why the cost share
17 is different is because we perceived the risk is
18 different. If it's a 20 percent cost share we think
19 that's high-risk, long-term and, you know, we can't
20 expect a high cost share from industry if there's a
21 chance that it won't go anywhere. And a 30 percent or
22 more of an incremental leap of technology rather than
23 come up with something totally new that's the cost

1 share part. The money part, I tried to make the
2 distinction between when you're analyzing something
3 with a guy sitting in front of a PC or something versus
4 you're actually developing and testing a prototype in a
5 laboratory. So the latter of those two have got more
6 money associated with them. That's the way we usually
7 do these kinds of solicitations.

8 Topic one, MEA conditioning and electrode
9 deposition has almost the same funding; is that
10 appropriate?

11 The challenges of electrode deposition is
12 much higher than MEA conditioning and we -- I think we
13 agree with you on that. There is a lot more challenges
14 in electrode deposition. So we're going to take this
15 under consideration and maybe make some changes --
16 appropriate changes.

17 Topic two, this is the novel MEAs should also
18 cover five and seven layer MEA production.

19 Yeah, that could be a novel MEA. And we're
20 not saying no. We didn't want to prescribe that. We
21 started out by prescribing that and we took it out
22 because we didn't want to say, here's the answer, go do
23 it. So, yeah, that might be something that you could

1 propose and that would be included. It's in the scope.

2 I think we answered this one. Okay. Topic
3 three and four. Should not topic three and four meld
4 together?

5 Let me refresh your memory on that one.

6 Three is rapid MEA conditioning and four is process
7 models. And yeah, maybe. That's a good point. You
8 could see it either way and we're going to talk some
9 more about it. You know, they maybe should be together
10 on that.

11 What drove the funding allocation -- I think
12 I answered that already on how I allocated the money on
13 that.

14 Topic four, process modeling. It will be
15 extremely difficult and likely not possible to collect
16 accurate, i.e., honest and current benchmark costs of
17 manufacturing. The industry will not divulge real
18 costs.

19 Okay. That is a challenge. We think that
20 we've got a pretty good start with some of our
21 estimates. And if you talk to our PIs that are
22 associated with fuel cells they can give you some more
23 insight on that. There was quite a bit of data

1 provided this past week. So somebody is giving to
2 them.

3 Process modeling, topic four. Will modeling
4 include life-cycle energy requirements and
5 environmental effects?

6 Well, we think it probably should. So the
7 answer is, yes.

8 See we had to think about that because you
9 guys are asking questions that we haven't thought about
10 and so that's good.

11 Topic five. Okay. Topic five is cost
12 effective testing cell stacks. Does this include
13 online quality control processes and methods and tools
14 for MEAs and CCMs?

15 The answer is definitely yes.

16 Topic six is the tanks. What about high
17 pressure hybrid tanks containing metal hydride and
18 chemical hydride media and the tanks are around 100 bar
19 in pressure?

20 Well, we're going to consider that. We are
21 going to think about that. We don't want to rule that
22 out. We might widen that topic to allow that. It's a
23 good idea.

1 Topic six. Allowed to propose fibers that
2 are not carbon but can meet the tensile strength in
3 costs?

4 Yes, absolutely. So we need to take out the
5 part about it's just carbon. Because if you've got
6 something that meets the strength requirements, that's
7 what we're after.

8 Topic six again. This is -- caught me on
9 this one. I meant to get this out. Conformal tanks
10 introduced domestically are the most expensive methods;
11 is that an issue? If this is an issue shouldn't
12 conformal tanks -- should this not include other than
13 conformal tanks?

14 The answer is yes. So we will take out the
15 "conformal" words in that topic.

16 Topic six. Cost model component is that
17 model development only for fiber placement and winding
18 or is it for the broader model of the whole tank?

19 It's for the broader model, but we want to
20 focus on the windings and how to get the fiber
21 processing done to get the costs down. So we don't
22 want a lot of cost modeling on the valves and all the
23 ancillary components associated with a high pressure

1 tank.

2 This is the last one. This is good because
3 we can say yes to all these. Explicitly state that
4 fibers other than carbon should be considered. I
5 already said yes to that. Material and design
6 requirements of tanks must be stated? Strength. I
7 think I did state that one.

8 Toughness, if you could tell me what you mean
9 by that. I think you're maybe talking about tensile
10 strength. But, I'd like to know what exactly you mean.

11 And long-term durability. Yes, we will add
12 that. So that's good.

13 Any target cost reduction you need to state
14 the benchmark and then the percent reduction.
15 Absolutely. Thank you for that comment. We will be
16 more explicit. And that's where we are. I hope this
17 has been useful for you, it certainly has for us.

18 We are going to make some changes. We hope
19 to have the transcript of this meeting's proceedings,
20 that handout we gave you, the slides, all on our web
21 site by the middle of next week; right? Okay.

22 And it is --

23 PARTICIPANT: Which web site?

1 MS. CHEW: There should be a link from
2 hydrogen.energy.gov. We'll post a news link so that
3 it's easy to find. Does that clarify the question?

4 MR. DEVLIN: Okay. So anything else?

5 PARTICIPANT: Quick question. Actually I
6 just wonder if this solicitation is about developing
7 new manufacturing and assembly processes or developing
8 prototypes that are cost effective? Like, do you want
9 it to generate new manufacturing and assembly cost-
10 effective processes, or you want to develop prototypes
11 that are cost effective?

12 MR. DEVLIN: Thanks. That's a very, very
13 good question and we probably should have started out
14 with that.

15 We are really after the processes. And when
16 I said "rapid prototyping models" it's the process to
17 do rapid prototyping models, not the prototypes
18 themselves. So it's all about faster, more effective
19 processes. Does that answer your question?

20 PARTICIPANT: Yes.

21 MR. DEVLIN: All right. Well, thank you very
22 much. Look for our proceedings on the web site and
23 hopefully look for our solicitation very soon. Thanks

1 a lot.

2 [Whereupon, at 3:00 p.m., the meeting was
3 adjourned.]

4

5

6

7

8

9

10

11